

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

Volume: I
Pages: 1-60
Exhibits: 1-4

COMMONWEALTH OF MASSACHUSETTS

MIDDLESEX, SS. SUPERIOR COURT

----- X

MICHAEL A. MINOVITCH
Plaintiff,

v. Civil Action No. 00-5159J

RICHARD H. BATTIN, ET AL.,
Defendants.

----- X

DEPOSITION of WALTER M. HOLLISTER
Tuesday, February 1, 2005
Hermes, Netburn, O'Connor & Spearing, P.C.
111 Devonshire Street
Boston, Massachusetts

Reporter: Katherine A. Tevnan, RPR/CSR

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

APPEARANCES:

HERMES, NETBURN, O'CONNOR & SPEARING, P.C.

(By Peter G. Hermes, Esq.)

111 Devonshire Street

Boston, Massachusetts 02109

617-210-7730

for the Plaintiff.

PALMER & DODGE

(By Jeffrey Swope, Esq.)

111 Huntington Avenue

Boston, Massachusetts 02199

for the Defendant.

ALSO PRESENT:

Michael A. Minovitch

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

I N D E X

Deposition of: Direct Cross Redirect Recross

WALTER M. HOLLISTER

By Mr. Hermes 5

By Mr. Swope 57

E X H I B I T S

No.		Page
1	Periodic Swing-by Article	14
2	Walter Hollister's Thesis	27
3	Lecture notes-Trajectory Problem	27
4	23 May 2000 note & attachments	40

*Original exhibits retained by Attorney Hermes

1 P R O C E E D I N G S

2 MR. HERMES: I can call you by a number
3 of titles. Tell me what you would prefer.

4 THE WITNESS: You can call me Walt if you
5 like.

6 MR. HERMES: I wouldn't do that on the
7 record. Is it professor or doctor?

8 THE WITNESS: It doesn't make any
9 difference.

10 MR. HERMES: Pick one then. It is your
11 choice.

12 THE WITNESS: Professor.

13 MR. HERMES: Fine.

14 WALTER M. HOLLISTER

15

16 a witness called for examination by counsel for the
17 Plaintiff, being first duly sworn, was examined and
18 testified as follows:

19

20 MR. HERMES: Mr. Swope, with respect to
21 stipulations? I would propose we go according to
22 the rules, but I am willing for the witness to read
23 and sign under the pains and penalties of perjury if
24 you agree.

1 MR. SWOPE: I agree with that.

2 MR. SWOPE: Objections as to form and
3 motions strike reserved, or would you prefer they
4 be --

5 MR. HERMES: I prefer they be made now.

6 DIRECT EXAMINATION

7 BY MR. HERMES:

8 Q. For the record would you please state your
9 name?

10 A. Walter Hollister, H O L L I S T E R.

11 Q. What is your home address, sir?

12 A. 139 Bedford Road in Lincoln, Massachusetts
13 01773.

14 Q. What is your date of birth, Professor
15 Hollister?

16 A. 22 November 1930.

17 Q. Are you currently employed, sir?

18 A. Am I employed? Is that the question?

19 Q. Yes.

20 A. No. I am retired as professor emeritus from
21 MIT.

22 Q. When did you retire, sir?

23 A. Officially retired in 1996.

24 Q. Did you cease having duties at MIT sometime

1 prior to 1996?

2 A. No. In fact, I taught for an additional
3 five years and was paid half-time for that.

4 Q. Was there some particular reason why you
5 stayed on for only five years?

6 A. It was a golden handshake and that's all
7 they would give us. We could stay longer, but we
8 couldn't be paid.

9 Q. How long were you employed by MIT?

10 A. I will have to tell you that approximately,
11 I joined the faculty in 1963 and retired in '96.

12 Q. Did you have any other full-time employment
13 other than your employment at MIT with the exception
14 of your military service?

15 A. As a consultant. It was not full time but
16 it was, well, we were authorized a day a week to
17 consult. I doubt that it ever was that much on a
18 consistent basis.

19 Q. In what field did you do consulting?

20 A. The areas I was considered to have expertise
21 in. I worked in astrodynamics field for perhaps
22 eight, nine years. I worked in the air traffic
23 control field for the remainder of my career.

24 Q. You were in the military service, were you

1 not?

2 A. Yes. I served in the naval reserve as a
3 naval aviator for 22 years.

4 Q. When did you first go into the naval
5 reserve?

6 A. I joined the navy on the fourth of April in
7 1954.

8 Q. You were on active duty until when, sir?

9 A. April 4, 1958. Then later --

10 Q. You were invited back I take it?

11 A. Yes. Over the Berlin crisis. I don't know
12 the exact dates of that but it was approximately
13 parts of '61, 1961 and '62.

14 Q. Do you recall the months when you went back
15 in or the month you went back in?

16 A. I can only give you that approximately. It
17 was approximately September of 1961 that I went in,
18 I believe. But I can't say that that's exact.

19 Q. Your best memory as you are sitting here
20 this afternoon was it was September?

21 A. Yes. But I am not confident of it.

22 Q. I understand that. When were you released
23 from active service?

24 A. I believe it was one year later. It was

1 meant to be a year of service.

2 Q. Did you retire from the navy?

3 A. Yes. As a reservist.

4 Q. When was that?

5 A. It would have been 22 years from 1954.

6 Q. Approximately 1976?

7 A. Yes.

8 Q. What was your rank on retirement?

9 A. Captain.

10 Q. Are you familiar with the relationship that
11 existed at one time between MIT and the MIT
12 Instrumentation Laboratory?

13 A. Yes.

14 Q. Can you describe that for me, sir?

15 A. My relationship?

16 Q. No. The relationship between MIT and the
17 MIT Instrumentation Laboratory.

18 A. Yes. The MIT Instrumentation Laboratory was
19 founded by Dr. Stark Draper. I don't know the dates
20 of these various events. But roughly at the time of
21 the Vietnam war there was a question raised as to
22 whether MIT should be sponsoring classified research
23 at the Instrumentation Lab. The decision was made
24 that the institute should divest itself of the

1 Instrumentation Lab and it was I think at that time
2 that the name changed to the Charles Stark Draper
3 Lab.

4 Q. Does the, do the Draper Lab -- does the
5 Draper Lab now function independently of MIT?

6 A. There is a very strong relationship between
7 them. In other words, we draw some of our faculty,
8 associates or teaching people from the Draper Lab.
9 Dr. Battin, for example, for a number of years was
10 employed by the Draper Lab but was teaching within
11 the Aeronautics Department.

12 Q. Was that to your knowledge both before and
13 after the separation of the Draper Lab from MIT?

14 A. Yes. Dr. Battin is still teaching, as a
15 matter of fact. His role though now, he has retired
16 from the Draper Lab. He now, I believe his
17 appointment now, his appointment had been at least
18 at one time an adjunct professor. I am not certain
19 of what his current appointment is.

20 Q. In what department did you hold appointments
21 at MIT or what department or departments?

22 A. During my time as a professor it was always
23 in the Department of Aeronautics and Astronautics.

24 Q. Professor Hollister, let me show you a copy

1 of a paper, the title is Periodic Swing-by Orbits
2 Between Earth and Venus. I ask you if you would
3 take a look at that, please. Is that a paper which
4 you wrote with Michael Menning, M E N N I N G?

5 A. That's correct. Michael Menning was a
6 student at the time.

7 Q. In the first paragraph in the introduction
8 about five lines down there is a reference to
9 Michael Minovitch, is there not?

10 A. Yes.

11 Q. That reference continues to the end of that
12 first paragraph, does it not?

13 (Pause)

14 A. I think that would be correct. Let me
15 qualify that. The last sentence refers to reference
16 9, which was a reference of my own.

17 Q. Fair enough. I appreciate that, sir.

18 My question is, the statements made
19 concerning Dr. Minovitch and beginning it was
20 Minovitch, however, who first recognized the
21 fundamental role which the planetary fly-by can play
22 in trajectory design. My question, sir, is, are the
23 statements you made concerning Dr. Minovitch in this
24 paper accurate when you made them?

1 A. No. I don't think so. Because --

2 Q. I think you answered my question. You
3 answered my question. I believe the answer to my
4 question was no. I will ask you one more time.

5 The statement that you made in the first
6 paragraph referring to Dr. Minovitch. My question
7 is, sir, were they accurate when made in this paper?

8 A. I believe the word first makes it
9 inaccurate.

10 Q. When you wrote this paper did you believe
11 that the word first should not be in the paper?

12 A. The words that were written there were a
13 paraphrase of the report of the reviewer. It would
14 appear, it appeared to me that if I did not include
15 this information in the paper the publication of my
16 paper would be denied.

17 Q. Do I take it, sir, you included in your
18 paper something you believed was inaccurate in order
19 to have the paper published?

20 A. No. It was my understanding that
21 Dr. Minovitch had been primarily interested in
22 trajectories to the outer planets. As such I was
23 thinking in terms of the work that he had done in
24 using the fly-bys of the outer planets to go further

1 and further in the solar system and ultimately to
2 escape from the solar system.

3 Q. Did you ever state in writing to anyone that
4 the statements contained in the first paragraph
5 concerning Dr. Minovitch were untrue?

6 A. No.

7 Q. Apparently when this paper was published you
8 believed the use of the word first was inaccurate,
9 correct?

10 A. I think the words that I used were a
11 paraphrase of what was in the reviewer's report.

12 Q. Did you anywhere in this paper credit the
13 reviewer for any words that you put in the paper?

14 A. No.

15 Q. Are you walking away from this paper now and
16 saying these are not your words?

17 A. I don't think I understand your question.

18 Q. This paper was published to a portion of the
19 scientific community, was it not?

20 A. Yes.

21 Q. Apparently you published something in this
22 paper as to which you had, you either knew was
23 untrue or had doubts as to whether it was true; is
24 that correct?

1 A. I don't think that I could agree with that
2 statement.

3 Q. Did you know whether in 1970 when this paper
4 was published whether Minovitch was the first,
5 whether Minovitch first recognized the fundamental
6 role which planetary fly-by can play in trajectory
7 design?

8 A. This question is extremely complicated
9 because there were several people early on who had
10 made similar proposals. They preceded -- it would
11 go back as far as Hohmann and Crocco. Before there
12 was even the capability of carrying out these kinds
13 of missions. To identify who is the actual first
14 person that did this would be a very, very difficult
15 thing to establish and credit should probably go to
16 many people who contributed. Certainly
17 Dr. Minovitch was one. Dr. Battin was one. A
18 number of other people, probably most of the authors
19 that were referenced by either of it.

20 Q. Why didn't you say that in here if you knew
21 then that it might be or that Hohmann had made a
22 contribution and Crocco had made a contribution and
23 Battin had made a contribution and Minovitch had
24 made a contribution, why didn't you say that rather

1 than use the word first with reference to Minovitch?

2 A. As I said before, the word first was
3 suggested by the reviewer.

4 Q. You could have said no, couldn't you?

5 A. Possible. But then I wouldn't have been
6 able to publish my paper.

7 Q. Was it more important to publish the paper
8 or more important to be more accurate as your own
9 mind showed you that -- the right of it?

10 A. I don't know how to make that judgment.

11 Q. You apparently made it here, did you not?
12 You made it here by using the word first?

13 A. That's your statement.

14 Q. You did use the word first, did you not?

15 A. Yes.

16 Q. May we have the Periodic Swing-by Orbits
17 marked as Exhibit No. 1, please.

18 (Exhibit one marked
19 for identification)

20 Q. Referring to the last page of Exhibit 1,
21 reference number four refers to a paper by Michael
22 Minovitch with a date of August 1961, does it not,
23 Professor Hollister?

24 A. Reference four you said?

1 Q. Yes.

2 A. That is by Ross.

3 Q. It is six, is it not? Referring to number
4 six?

5 A. Yes.

6 Q. Is that paper by Minovitch written in 1961?

7 A. That's what is printed here, yes.

8 Q. When did that paper first come to your
9 attention?

10 A. In the review of the paper we were just
11 looking at.

12 Q. Do you recall whether or not other papers by
13 Dr. Minovitch on similar subjects had come to your
14 attention at any earlier time?

15 A. To the best of my recollection, I had no
16 knowledge of Dr. Minovitch's work prior to that
17 review.

18 Q. If you look at the first page of Exhibit
19 No. 1, down at the bottom there is an entry, it
20 looks like a note that says received August 14,
21 1969. I am talking on the lower left, sir. Then
22 there is revision received June 11, 1970. Was the
23 reference to Dr. Minovitch in the original version
24 of the paper

1 A. No. Not to my knowledge.

2 Q. Was it first put in the paper at the time of
3 the June 1970 revision?

4 A. I believe that's correct.

5 Q. Have you had occasion to serve as a thesis
6 supervisor for graduate students at MIT?

7 A. As a supervisor?

8 Q. Yes.

9 A. Yes.

10 Q. When you serve in that role do you review
11 the papers written by students?

12 A. Yes.

13 Q. Do you refer the -- do you look at the
14 sources that they cite?

15 A. If you are asking do I read all their
16 references, I would say perhaps not.

17 Q. Do you make it a practice, did you make it a
18 practice to look at the table which includes the
19 references?

20 A. You are talking now about references that
21 students have in their papers?

22 Q. Yes, sir.

23 A. I would be more likely to speak with the
24 author, ask him about it. Unless the reference was

1 something that would be pertinent to my work.

2 Q. Do I take it then that if you had a student,
3 for instance, who did a paper, in a thesis where you
4 were the supervisor in say 1968 that made reference
5 to Dr. Minovitch that you would not necessarily have
6 become aware of a paper cited by the student in the
7 thesis?

8 A. If I understand your question correctly, it
9 is possible that there might have been a student
10 aware of Minovitch and I didn't learn of it. Is
11 that the point you are trying to make?

12 Q. Yes.

13 A. That could be, certainly be possible.

14 Q. Can you tell me, sir, what did you do in
15 preparation for your deposition here today?

16 A. I reviewed documents that I had that I
17 thought were pertinent. One was the notes that had
18 a date and the information about Dr. Battin's work
19 with two planet fly-bys. I looked at the copy which
20 I have of the review of that, of this paper
21 (indicating)

22 Q. By this paper you are referring to Exhibit
23 1, sir?

24 A. I think that's what you called it.

1 I looked in Dr. Battin's book about the
2 orbit which was the same one that was in my notes.
3 Perhaps you could help me as to if you are looking
4 for something very specific.

5 Q. I am looking, sir, to find out simply what
6 it is that you may have looked at in preparation for
7 this deposition. I think you told me that you
8 looked at two sets of notes. I will show you some
9 and ask you to identify them. Are you represented
10 by counsel here this afternoon?

11 A. No.

12 Q. Did you meet with Mr. Swope prior to your
13 deposition?

14 A. No. But we spoke on the phone.

15 Q. What did you speak about?

16 A. He told me what to expect and advised me the
17 most important thing I could do is to speak the
18 truth.

19 Q. What did he advise you to expect?

20 A. He said that there might be some question
21 about this paper (indicating)

22 Q. Exhibit No. 1?

23 A. Exhibit No. 1. And that there might be a
24 question about a statement in my doctoral thesis,

1 which I also looked at.

2 Q. Let me show you a document, sir, it is dated
3 May of 1963. Do you recognize this as a copy of
4 your doctoral thesis that you did at the
5 Massachusetts Institute of Technology?

6 A. Yes, I do.

7 Q. Do you recall what the standard was for a
8 thesis for a doctor of science degree at MIT in
9 March of 1963, in May of 1963?

10 A. No, I don't remember that.

11 Q. In your work at MIT as a thesis supervisor
12 have you ever applied to a student's work a
13 particular standard concerning a thesis?

14 A. I think it is a matter of judgment.

15 Q. Professor Hollister, is it a requirement of
16 a thesis that it contain, a doctoral thesis at MIT,
17 that it contain an original idea or deal with an
18 original subject or research in an original subject?

19 A. That's usually stated.

20 Q. Was that the case with respect to your
21 doctoral thesis?

22 A. Yes, I think there were original things in
23 it.

24 Q. Was it required that there be certain

1 original things in your doctoral thesis?

2 A. I have no way to state that without
3 referring to the rules at that time. Which I don't
4 recall.

5 Q. You said you believed there were certain
6 things that were original in your thesis. Can you
7 identify them for me?

8 A. Well, the main thrust of the thesis was to
9 have a very simple model, not highly accurate at
10 all, to understand the relatively complicated
11 trajectory analysis for the purposes of a manned
12 mission to Mars.

13 Q. What original ideas were contained in your
14 thesis as you recall in that regard?

15 A. Well, it was a bit unconventional, but I
16 used a linearized model of the orbital dynamics
17 which would, was only to realize how the
18 orientations of the planets would be critical in
19 when you chose to launch an expedition to Mars.

20 Q. In what way, sir?

21 A. Well, if you are going to Mars and you use
22 the minimum energy trajectory to get there, it is
23 usually referred to as an Hohmann trajectory. You
24 arrive at Mars in a position such that you have to

1 wait longer than a year before you are in a position
2 to make a similar trajectory back to earth, which
3 makes the total mission several years long. In the
4 interest of trying to get a shorter mission, one in
5 which you can return to earth relatively early after
6 your arrival at Mars, it is necessary to do
7 something that allows you to get ahead of the earth
8 so that when you return you will be able to depart
9 Mars shortly after you arrive.

10 Q. Were you able to come up with a method by
11 which that could be accomplished?

12 A. Well, I introduced what was called a
13 bi-elliptical approach. Bi-elliptical trajectory.
14 That means that you have a thrust and a velocity
15 change roughly halfway to Mars and the orbit would
16 take you closer to the sun originally, and then the
17 velocity change, and arriving at Mars in a position
18 where you would be able to return to earth directly.

19 Q. Would you look at page seven of your thesis?
20 Just above section 1.3 there is a statement, it
21 should be noted, however, that the author has found
22 no mention in the literature of the specific mission
23 suggested in this work, namely trips to Mars via
24 bi-elliptical transfer or via a Venus encounter that

1 includes a significant velocity change near Venus.

2 Do you see that sentence, sir?

3 A. Yes.

4 Q. I think you told me something about
5 bi-elliptical transfer. What is in your thesis with
6 respect to a Venus encounter that includes a
7 significant velocity change near Venus?

8 A. Well, the efficiency of a velocity change is
9 greater when you are moving fast. The energy of an
10 orbit, you have to increase the energy to get to
11 Mars. The energy in an orbit is proportional to the
12 velocity squared. What that means is that the
13 change in energy is proportional to the velocity
14 times the change in velocity that you apply.
15 Therefore, if you apply the velocity change at high
16 speed, you increase the energy more efficiently than
17 if you do it at slow speed. If you went to the
18 vicinity of Venus, which would be your closest point
19 to the sun and at high velocity, that would be a
20 very efficient time to apply a velocity change. If
21 the planet weren't there you could still do it but
22 it would take more velocity change than it would if
23 the planet were not there. It would be more, it
24 would take more velocity change if Venus were not

1 there than if Venus was there.

2 That direction of looking at velocity
3 changes at Venus during that fly-by was the subject
4 of subsequent research where we actually made
5 contours of the, I think we plotted the energy as a
6 function of the velocity change. All in the -- with
7 the goal of more efficient ways to get to Mars
8 without having to wait the several years before your
9 return.

10 Q. Did the Venus encounter that you discussed
11 in your thesis include a free-fall coasting
12 trajectory?

13 A. No. That would be a special case.

14 Q. Why was it a, why was that special?

15 A. No velocity change. No velocity added in
16 terms of a rocket thrust.

17 Q. If I understand correctly, scientists such
18 as yourself and others whose work you looked at were
19 trying to find a more efficient way to travel to
20 Mars rather than a direct mission to Mars; is that
21 correct?

22 A. Yes. I think the reason is that in order
23 when you get to Mars you can return to earth without
24 waiting while Mars goes around the sun.

1 Q. There were also issues with respect to
2 efficiency and usage of fuel and minimizing the
3 usage of fuel where necessary?

4 A. Yeah.

5 Q. One of those issues had to do with just the
6 amount of fuel needed to lift off the earth to get,
7 to make a mission to Mars, correct?

8 A. Yeah.

9 Q. Scientists were looking for better ways to
10 get to Mars than a direct trajectory from the earth
11 to Mars, correct?

12 A. Yes.

13 Q. One of the ways that you discussed in your
14 thesis was the bi-elliptical transfer, correct?

15 A. Yeah.

16 Q. Another way was a trip to Venus with a
17 velocity change near Venus?

18 A. Yes.

19 Q. The velocity change near Venus by what was,
20 what was to be by way of an engine or thrust
21 developed on the spacecraft itself, correct?

22 A. Yes.

23 Q. When you say on page seven that you found no
24 mention in the literature of the specific mission

1 suggested in this work, that is speaking about your
2 thesis, you were not then aware of Dr. Minovitch's
3 August 1961 paper, were you?

4 A. That would be correct.

5 Q. Were you then aware at the time you wrote
6 this statement of the work of Richard Battin?

7 A. Yeah.

8 Q. Were you aware of lecture notes dated August
9 of 1961 captioned lecture notes on the trajectory
10 problem as it relates to the mission for
11 interplanetary flight?

12 A. I don't know.

13 Q. Let me show you that document, sir. It is
14 identified as being by Richard H. Battin, Halcomb, H
15 A L C O M B, Laning, Jr.

16 A. I believe these must have been written
17 following the class that I took the course with
18 Dr. Battin. Do we have the copy of those -- well,
19 you are asking if I have seen this document?

20 Q. Yes.

21 A. I cannot remember.

22 Q. My more specific question was, had you seen
23 that document before you wrote your thesis?

24 A. I don't think I have seen this specific

1 document but I can't be specific of that many years
2 ago.

3 Q. Let me ask you another question. In your
4 thesis on page 148 you make reference to, it is
5 three pages from the end, sir. Page 148. Reference
6 34 refers to Battin, R.H, astronautical guidance and
7 a date of December 1963. Do you see that, sir?

8 A. Yes.

9 Q. I believe, Professor Hollister, if you
10 checked that book you would find that the lecture
11 notes are one of the chapters in that book?

12 MR. SWOPE: Objection to the form.
13 Question.

14 MR. HERMES: I don't want to --

15 MR. SWOPE: I want to note my objection.
16 You may answer.

17 Q. Is it possible, sir, that if that were the
18 case that you have seen the lecture notes that are
19 in front of you?

20 A. I certainly have seen that book of
21 Dr. Battin's, yes.

22 Q. Do you recall whether or not that book of
23 Dr. Battin's spoke of an Earth, Venus, Mars, Earth
24 mission?

1 A. Yes, it did.

2 Q. If you look at -- let's do some
3 housekeeping. Let's mark your thesis as Exhibit No.
4 2, please.

5 MR. SWOPE: Could I note for the record
6 there seems to be at least one marking on that of a
7 red check.

8 MR. HERMES: Yes, there does appear to
9 be.

10 MR. SWOPE: That was on it when it was
11 presented to Professor Hollister. Is that correct?
12 Or did you enter the red check?

13 A. I did not render it.

14 MR. HERMES: There may be other red
15 checks and for the record they are none that
16 Professor Hollister has made on the document.

17 (Exhibit 2 marked
18 for identification)

19 MR. HERMES: May we also have marked as
20 Exhibit No. 3 the lecture notes on the Trajectory
21 Problem.

22 (Exhibit 3 marked
23 for identification)

24 MR. SWOPE: Can we say if there are any

1 checks?

2 MR. HERMES: Yes.

3 MR. SWOPE: Now that I look at Exhibit 1
4 there is a red mark on that. The same stipulation.

5 MR. HERMES: Yes.

6 Q. Professor Hollister, Exhibit 3 are the
7 lecture notes for class 16.46, the document bears
8 the date of August 1961. I direct your attention to
9 page 49 of that paper. I believe the subject of
10 pages 49 and 50 and the accompanying slides are
11 Earth, Venus, Mars, Earth trajectories. My question
12 to you, sir, is, when you wrote your thesis were you
13 aware of the information contained on those pages?

14 MR. SWOPE: Object to the form of the
15 question. You may answer.

16 A. Yes.

17 Q. Were you aware of the information contained?

18 A. Yes. I would point out that the Earth,
19 Venus, Mars trajectory described here did not have a
20 velocity change in Venus.

21 Q. Understood.

22 A. Not by a rocket. Velocity changes would
23 have been by the interaction with the planet.

24 Q. Is that the reason why, sir, you -- let me

1 ask you this question. Did you consider when you
2 made the statement that you found no mention in the
3 literature of the specific mission suggested, that
4 the distinction between what you were suggesting and
5 what had been written on Exhibit 3 was the fact that
6 there was no rocket thrust at Venus?

7 A. That's correct. That statement which you
8 referred to earlier, that there was no work to my
9 knowledge on those type of trajectories was because
10 they did not include a Delta V, provided by the
11 rocket, either on bi-elliptical transfer or in the
12 vicinity of Venus.

13 Q. Do I understand, sir, that had you known
14 about Dr. Minovitch's paper you would not have
15 changed the statement made on page 7 because
16 Dr. Minovitch was not speaking in his paper, his
17 1961 paper, about a Delta V at Venus?

18 MR. SWOPE: Objection.

19 A. That's too problematic a question.

20 Q. Why do you find it problematic?

21 A. It was 40 years ago. How do I know what I
22 would have done?

23 Q. Did you consider -- let me ask you now, do
24 you consider that the Earth, Venus, Mars, Earth

1 mission described on pages 49 and 50 of Exhibit 3 to
2 be similar to the types of trajectories discussed by
3 Dr. Battin in his 1961 -- Dr. Minovitch in his 1961
4 paper?

5 A. I can't remember. I would point out to you
6 that, it may be stated here, I believe it was in
7 Battin's book that he thought of these as sort of
8 interesting trajectories but that they weren't very
9 practical in that the window, the launch window in
10 time was so small that if by any chance you missed
11 it would be another six years before you would have
12 an opportunity to do the same mission. So he cited
13 these double planet fly-bys and calculated them.
14 But he pointed out that he didn't think they were
15 practical. In fact, I think the statement is this
16 one -- here it is. It is sad to report that those
17 double-reconnaissance trajectories are little more
18 than astronomical oddities. Unfortunately the
19 launch time tolerances appear to be far too severe
20 for them to be exploited with current technology.
21 Unforeseen delays in the countdown of even a few
22 days would necessitate, necessitate a six year
23 postponement in the mission.

24 Q. You were reading from page 50 of Exhibit 3,

1 correct?

2 A. That's correct, yeah.

3 Q. Has that proven to be the case?

4 A. Well, it is 40 years later. It was
5 certainly true at the time, I think. In conjunction
6 with my thesis, it was the assumption that those
7 probably wouldn't be practical orbits and that was
8 the reason I was looking at the bi-elliptical
9 orbits.

10 Q. As it turned out, in fact, those are
11 practical orbits?

12 A. Now?

13 Q. Yes.

14 A. No.

15 Q. Isn't it a fact based on what is known now
16 they are practical orbits?

17 A. I wouldn't know that.

18 Q. Why is that, sir?

19 A. I haven't been in this field now for 20
20 years.

21 Q. Tell me what field you were in or have been
22 in in the last 20 years, sir?

23 A. Air traffic control.

24 Q. Anything specific concerning that, sir?

1 A. I was working at Lincoln Lab and the primary
2 interest was collision avoidance system. The
3 collision avoidance system now in all airlines was
4 developed there then.

5 Q. Prior to the last 20 years what field did
6 you work in?

7 A. Well, at the time of my doctoral research
8 was when I first entered the planetary, interest in
9 planetary orbits. That continued about, it was
10 roughly when the Apollo missions were, I don't say
11 complete, but most of the engineering was done and
12 the funding in that area dropped. I imagine
13 Dr. Minovitch would remember the difficulty in
14 getting funds then. I decided that a change in the
15 research area --

16 MR. MINOVITCH: Around 1960 --

17 MR. HERMES: You are not the witness
18 today. You will get your own turn.

19 Q. Did you move to a different field then at
20 that time when the funding dried up?

21 A. That's when I got interested in air traffic
22 control. I spent at least a day a week at the
23 Lincoln Laboratory.

24 Q. In connection with your thesis, Exhibit No.

1 2, did you have occasion to discuss your ideas with
2 Dr. Battin?

3 A. I don't believe so. When I was recalled
4 into the service, before I went into the service, I
5 had talked with him about doing a thesis on
6 interplanetary guidance. Then I was called into the
7 service and I think he was a little disappointed
8 that I wasn't there after I had talked with him
9 about doing work with him. My advisor for the
10 thesis was Dr. Wrigley who was the chairman of the
11 what was called the interdepartmental
12 instrumentation doctoral program.

13 Q. Now, in your thesis on page 3i you state
14 that the staff of the MIT Instrumentation Laboratory
15 has been extremely helpful. You indicate
16 Dr. Richard H. Battin, among others, had provided
17 technical advice. Do you recall what technical
18 advice it was that he provided?

19 A. Yes. Somewhere else in the thesis it is
20 much more specific. But he had a computer algorithm
21 which determines the semi-major axis of the orbit
22 from the time of flight. That was a rather stellar
23 piece of work that he and Dr. Laning did. He gave
24 me that, the code for that. And I used it.

1 Q. Let me show you page 71 of Exhibit 2. There
2 is a specific reference in there to Dr. Battin?

3 A. That's exactly what I said. Dr. Battin's
4 kind permission the iteration routine to obtain the
5 semi-major axis of the transfer ellipse from the
6 time of flight was taken directly from a computer
7 program which he wrote.

8 Q. Can you describe for me in words I can
9 understand what that program did?

10 A. It is in Dr. Battin's book. It is a
11 description of that.

12 Q. Can you describe it to me, sir?

13 A. No. Not in words that would be simple. I
14 doubt that I could reproduce it now after 40 years
15 without referring to the book.

16 Q. That wasn't a program for calculating
17 gravity-assist trajectories?

18 A. No. It was purely a mathematical thing
19 working with Kepler's equation. The importance of
20 it was that you wanted to know orbits in terms of
21 the time of launch and time of arrival. Subtract
22 those two and you have the time of flight. The
23 question was, how do you get properties of the
24 transfer ellipse from the time of flight? This

1 obtained the semi-major axis of that ellipse as a
2 function of the time of flight.

3 MR. SWOPE: I think you just explained
4 it to him in terms he can understand.

5 (Discussion off the record)

6 Q. I put before you a document, the first page
7 of which is handwritten and bears a date of 23 May
8 2000 captioned To Whom It May Concern. It appears
9 to be your signature at the bottom of the first
10 page. Do you see that, sir?

11 A. Yes.

12 Q. Do I understand, sir, that the first page of
13 that document is in your handwriting or in your
14 hand?

15 A. Yeah.

16 Q. What are the attachments to the document
17 dated 23 May 2000?

18 A. These are all of the notes from the course I
19 took from Dr. Battin. This should answer the
20 question of what the date was of that.

21 Q. I will help you out in a minute if you can
22 wait. I want to identify the document at least
23 initially.

24 A. Would you repeat the question you are

1 waiting on me to answer?

2 Q. The cover page is something which you wrote
3 in May of 2000, correct?

4 A. Yes.

5 Q. The rest of the pages are notes from a
6 course that you took at MIT in May, in 1960, sir?

7 A. That's correct. Well, the course was the
8 spring term of 1960. It started in February and
9 went through to May.

10 Q. What were the circumstances under which you
11 wrote the 23 May 2000 first page?

12 A. That was a time at which Dr. Minovitch was
13 present and he had an expert analyze the ink on my
14 notes to see if they in fact were written somewhere
15 near 1960.

16 Q. What occasion was that? Where did that take
17 place?

18 A. I believe it was in the Draper Lab, wasn't
19 it, Doctor?

20 MR. MINOVITCH: Yes.

21 MR. HERMES: No. As much as you
22 gentlemen are used to certain colloquies, this a
23 lawyer colloquy. I ask the questions, you give the
24 answer, Professor Hollister.

1 A. I am not willing to state under oath exactly
2 where we were. I thought it was in the Draper Lab.
3 The persons present would have been Dr. Minovitch
4 and I believe there was another student that had
5 taken the course from Dr. Battin also.

6 Q. Larry Brock?

7 A. Yes. Larry Brock.

8 Q. Was there a fellow there by the name of
9 Lyter, L Y T E R?

10 A. There might have been, but I don't recall
11 that name.

12 Q. Do you recall whether he was the expert or
13 not?

14 A. No. Was that his name? He apparently is
15 well-qualified in the study of ink dating.

16 Q. How did you come to be there on that
17 occasion? Did someone ask you to bring your notes?

18 A. Dr. Battin had told me of his concern, that
19 he wanted evidence of the fact that he had done the
20 work. I said well, you know, I will have my notes
21 from the course and they are dated. I have a
22 tendency to keep all my notes from graduate school.

23 Q. Do you have your notes from all your
24 graduate school courses?

1 A. Ones that I was -- found useful. Certainly
2 not all of them. Unlikely I would keep notes from a
3 literature course. From many of the engineering
4 courses I still have.

5 MR. SWOPE: You are offending present
6 company.

7 MR. HERMES: Haven't you found the
8 students with the ability to communicate in English
9 by the written word is a great talent, sir?

10 A. Yes. I can remember most of that. Most of
11 the technical material it is hard to remember.

12 Q. Where did you keep the notes, sir?

13 A. They are in my study at home.

14 Q. What form does the original take?

15 A. It is a loose-leaf, not loose-leaf. It is
16 one of those binders that has a piece of metal
17 that -- what I would do is, at the end of each
18 course if I felt it was useful keeping the notes, I
19 would put them in that binder, then deposit them in
20 my library at home.

21 Q. Is the binding similar to this?

22 A. Almost similar.

23 Q. I would call an ACCO binder?

24 A. Yes.

1 Q. You keep the original of those notes in your
2 home?

3 A. Yes.

4 Q. You have other notes from 1960 and other
5 years?

6 A. Yes.

7 Q. Do I take it, where -- were holes punched in
8 the paper?

9 A. Yes.

10 Q. On what side?

11 A. It would be on the left. I have to be
12 careful. In the interest of conserving paper I may
13 have had some of them written on the back.

14 Q. Do I take it on some pages you wrote on both
15 the front and back of the pages?

16 A. I believe so. I don't know. If that's
17 important I would really have to look.

18 Q. You still have the document in your
19 possession?

20 A. Yes.

21 MR. HERMES: May we have the 23 May 2000
22 cover page and the notes which bear the entry on the
23 first page 16.46T, upper case T, Astronautical
24 Guidance, then to the right it says Professor

1 Wrigley, W R I G L E Y. Below that Dr. Battin.
2 Then appears to say Sterns, S T E R N S - P R O B.
3 Greater.

4 (Exhibit 4 marked
5 for identification)

6 Q. Professor Hollister, Exhibit 3 appears to be
7 certain notes from class 16.46 bearing a date of
8 August 1961. Have you ever seen a set of notes for
9 the class 16.46 for the year 1960?

10 A. I can't recall ever seeing printed notes.
11 They are very often things handed out in class that
12 may be one day or another day and I just can't
13 recall.

14 Q. Had you received a handout, was it your
15 practice to keep it just as it apparently was your
16 practice to keep certain class notes?

17 A. Probably not with 100 percent consistency.

18 Q. Do you know whether or not you have kept any
19 handouts which you received from course 16.46T which
20 you took in the spring of 1960?

21 A. I cannot remember. It would be possible for
22 me to see if I kept them.

23 Q. Your cover letter makes reference to notes
24 of, I believe, 21 March and 23 March 1960. I have

1 opened Exhibit 4 to a page where there are notes,
2 appears to be handwritten entry, 21 March 1960 and
3 underlined. Do you see that, sir?

4 A. Yes.

5 Q. Are the notes which come below that entry
6 the notes which you believe that you took in class
7 on March 21, 1960?

8 A. Yes. These notes are the homework
9 assignment that I am reading.

10 Q. Were there any notes that you took of what
11 was said during the course of the lecture?

12 A. I will have to read it here.

13 (Pause)

14 A. As it appears as if the next page-and-a-half
15 were all from that date.

16 Q. You also appear to be looking at a page,
17 sir, that has a reference to 23 March '60 in the
18 middle of the page?

19 A. Yeah.

20 Q. I note for the record there are two red
21 checks on the page and those are not checks you put
22 there, correct?

23 A. That's correct.

24 Q. I think my question with respect to March 21

1 was, were those notes of, that you took during the
2 course of the lecture? I think you said at least
3 some of that refers to a homework assignment. Is
4 there an entry for March 21, 1960 made to statements
5 made during the course of the lecture?

6 A. It would appear to me the remainder of that
7 material was from the lecture.

8 Q. Are there any notes there which you can
9 identify today which refer to the subject matter of
10 planets as sources of pre-thrusts which could be
11 utilized to project the vehicle from one planet to
12 another without the use of fuel?

13 A. Well, this statement that it is possible to
14 go to both Venus and Mars in 1.8 years in 1965 or so
15 using about 16,000 feet per second. That would, I
16 think, be what you are describing.

17 Q. Do you recall -- is that a statement of what
18 Dr. Battin said on that occasion?

19 A. I don't know how else I would get that
20 information.

21 Q. Do you, as you sit here today, do you recall
22 precisely what was said during that class?

23 A. No. It is 40 years ago.

24 Q. Is it fair to say that the only recollection

1 you have is that contained in your notes?

2 A. Yes.

3 Q. Is it fair to say you do not know whether or
4 not Dr. Battin was reading from notes or some other
5 document during the course of the class on that day?

6 A. I believe that -- are all pages of these
7 notes here?

8 MR. HERMES: It is my understanding
9 that's a complete copy of what was produced.

10 A. If you wanted to give me some time I can see
11 when he first started speaking about the two planet
12 fly-by.

13 Q. Can you tell me why it was, in May of 2000,
14 you made reference only to the March, to the March
15 21 and March 23 dates? How did you come to fix on
16 those?

17 A. I think Dr. Battin was hoping that there
18 would be some evidence that he had done this work at
19 that time. It would appear my notes would verify
20 that, so I gave him that page with the date on it
21 and the information that I had written down.

22 Q. How does the first reference that you
23 identify, possible to go to both Venus and Mars in
24 1.8 years, refer to free-fall trajectories using

1 gravity assist? what's the basis for your belief
2 that that's so?

3 A. If he was going to go to both Venus and Mars
4 in 1.8 years in 1965 I feel fairly confident that he
5 is talking about two planet fly-by. Is that your
6 question you are asking me to answer?

7 Q. Yes. How do you come to the conclusion this
8 is relevant to the issues of gravity-assisted
9 trajectories?

10 A. That statement?

11 Q. Yes.

12 A. I think you are asking me to interpret
13 something that happened a very long time ago. When
14 I take notes I write down things I want to remember.
15 I don't know as if I can offer a great deal of
16 detail beyond that.

17 Q. Other than the words you wrote, you have no
18 recollection of what was, what may have been said on
19 that occasion?

20 A. Probably be fair to say that.

21 Q. In fact, you think it is somewhat unfair of
22 me to ask you that question?

23 A. If you are asking to remember the detail
24 from that period of time ago.

1 Q. Do you not remember the detail from that
2 time ago?

3 A. No.

4 Q. Do you have any memory of Dr. Battin using
5 any time during this course a term such as gravity-
6 assisted trajectories or talking about planets as
7 the source of free thrust?

8 A. Yes.

9 Q. On how many occasions?

10 A. Mostly a few days around this presentation.

11 Q. Did he discuss during the course of that
12 presentation when it was he first came to understand
13 that planets could be the source of free thrust?

14 A. I can't recall that.

15 Q. Did he have occasion to discuss whether or
16 not he had actually performed work in order to
17 determine if there were actual trajectories that
18 could illustrate that?

19 A. Oh, yes, he had --

20 MR. SWOPE: Excuse me. Objection to the
21 form of the question. You may answer.

22 Q. Sorry. You said oh, yes, what, sir?

23 A. He had calculated the trajectories.

24 Q. Did you have occasion to review those

1 calculations at that time?

2 A. Well, he had given us all of the
3 fundamentals as to how he calculated the
4 trajectories. He showed the process of searching to
5 find the pieces of the trajectory that would match
6 up at the planets that were flown by.

7 Q. Is that reflected in your notes?

8 A. It may be.

9 MR. HERMES: Why don't we take a brief
10 break and if you can identify where the reference to
11 calculations is in your notes. I would appreciate
12 it if you could identify it for me.

13 (Recess taken)

14 A. You asked me if there was a recipe how to do
15 this in the notes.

16 Q. That's a rough paraphrase, yes. Were you
17 able to find something, sir?

18 A. Yes. Unfortunately these pages aren't
19 numbered.

20 Q. Can you do it by reference of class date?

21 A. 21 March '60 on the second page of that
22 where it says round trip. These are the steps that
23 one takes to find a round trip.

24 Q. You have ten steps identified there, sir?

1 A. That's right. Basically it is a search
2 process.

3 Q. Do I take it that those ten steps were
4 Dr. Battin's description of how to do the
5 calculations?

6 A. Yes.

7 Q. To determine the multi-planet trajectory?

8 A. Yes.

9 Q. Is there anything else there, sir, in that
10 day or any other day?

11 MR. SWOPE: Objection.

12 Q. With respect to the calculations of the
13 gravity assisted trajectories?

14 A. Realize his lecture here is trying to tell
15 you how to do this. From the point of view that's
16 been learned in the class. Then he tells some of
17 the results he has found.

18 Q. When you say telling how to do this; when
19 you use the word this, what did you mean?

20 A. How to do the round-trip trajectory where
21 you would like to get a trajectory defined given the
22 start time and the end time. This is the recipe for
23 his calculation.

24 Q. Was this a trajectory using a gravity assist

1 at Venus to get to Mars?

2 A. No. This is the first step is to go between
3 two end-points. The next page he talks about --
4 then he has to pair two trajectories such that the
5 inbound and outbound velocity magnitude relative to
6 the fly-by planet are equal. This is another
7 search, going through trajectories until those two
8 have the same value.

9 Q. Why is that important?

10 A. Because that's -- the physics of the problem
11 is that in flying by the planet, the inbound
12 velocity is a large distance from the planet
13 magnitude is the same as the output velocity
14 magnitude. So that if you found two trajectories
15 that have equal input and output magnitudes, then
16 that would constitute a fly-by providing the
17 trajectory didn't go beneath the surface.

18 Q. Given your knowledge of the time, that is
19 1960 and the computer facilities available to
20 scientists like Dr. Battin and yourself, would there
21 need to be multiple iterations of trajectories in
22 order to find these?

23 A. Oh, yes.

24 Q. How many?

1 A. I don't know how to answer that question.
2 The computer runs until it converges. It doesn't
3 tell you how many steps it took.

4 Q. would it be unusual that in the first
5 iteration the computer would come up with the ideal
6 trajectory?

7 A. well, it is possible that the computer would
8 find a case where these two velocity vectors had
9 equal magnitudes but it couldn't be a physical
10 fly-by because the path required to turn the
11 relative velocity vector would require that the
12 vehicle went beneath the surface.

13 Q. That's a problem, is it not, because there
14 is no return trajectory if you fly into the surface
15 of the planet?

16 A. That's right. It is a search process.

17 MR. SWOPE: NASA has done that once.

18 MR. HERMES: we have all done that in
19 one way or another.

20 Q. So that the search for the trajectory that
21 works is a painstaking process and requires many
22 iterations?

23 A. That's true. Computers do that very well.

24 Q. But potentially thousands of iterations when

1 you are talking about something like this?

2 A. Could be.

3 Q. So it would be highly unusual on your first
4 try you would come up with the ideal result?

5 A. Well, you must be careful what you mean by
6 the first try. If you put it into the computer and
7 you tell it to compute until it converges, you might
8 hit it on the first try.

9 Q. Would that be a surprise to you if that
10 happened?

11 A. Not if Dr. Battin were doing it.

12 Q. In doing your paper with your bi-elliptical
13 transfers did you end up having thousands of
14 iterations of trajectories?

15 A. An awful lot of computing and finding minor
16 errors and correcting it.

17 Q. I think you said that there was some
18 information concerning the calculations on a page
19 which includes your notes for 23 March '60; is that
20 correct?

21 A. 23 March '60 is here. What was the
22 question?

23 Q. I asked you a question about calculations
24 and where the information was. You referred to the

1 page which included notes from 23 March '60, did it
2 not?

3 MR. SWOPE: I will object to the form of
4 the question.

5 A. I don't think I had gotten the question yet.

6 Q. I asked you to show me where in the notes
7 there were information concerning calculations,
8 correct?

9 A. Where there were notes -- the red check here
10 that says possible to go to both Venus and Mars in
11 1.8 years in 1965 or so, with about 16,000 foot per
12 second, and return to earth. That was the result of
13 the calculation.

14 Q. The notes below that, including your notes
15 for 23 March 1960, did those notes contain
16 information concerning calculations of trajectories?

17 A. Yes. The one that shows there in a plot are
18 all possible paths to Mars and Venus and then
19 plotted in that space are the contours of constant
20 launch velocity or arrival velocity. Our previous
21 discussion tried to explain why those have to have a
22 constant magnitude, otherwise it is not a physical
23 fly-by. So part of the search could be to plot
24 those contours, then see where the contours

1 intersect.

2 Q. My reference to 23 March '60 was simply to
3 identify the page. You have been testifying with
4 respect to certain entries on a page which does
5 include a date 23 March '60; is that correct?

6 A. Yeah.

7 Q. For the record I mentioned that because we
8 don't have numbered pages here. If someone wants to
9 know what you are testifying about, there is that
10 reference.

11 Do you recall any of the people who were
12 in this class with you?

13 A. Well, you mentioned some of those that were
14 there earlier. The class was organized by
15 Dr. Wrigley, and he invited Battin to come and give
16 a portion of the class, which is the most of these
17 notes (indicating). Dr. Stern was the person that
18 did the grading. Dr. Stern is deceased. What was
19 the fellow's name we just mentioned?

20 Q. Larry Brock?

21 A. Larry Brock. Larry may have taken the
22 course the following year.

23 Q. Can you name anybody else who took the
24 course with you in the spring of 1960?

1 A. Yes. I think Brad Parkinson took it then.
2 It is difficult because I have trouble remembering
3 people's names. Several of the people I wouldn't be
4 able to say their names. But they went to the moon
5 who were also in the class. Who was the second man
6 that put his foot on the moon?

7 Q. Buzz Aldrin?

8 A. Buzz was in the class. Ed Aldrin took it
9 the following year, I believe. Possibly two years
10 later. There were a couple of other guys whose
11 names I am having trouble saying.

12 Q. I am focusing on 1960.

13 A. Why is this important?

14 Q. Because I would like to know the names of
15 the people that were there in 1960 in the class with
16 you.

17 A. Is that going to benefit you?

18 Q. It might.

19 A. That would be very difficult to find an
20 accurate representation of the people that were in
21 that class.

22 Q. Believe me, sir, I wouldn't waste your time
23 asking the questions if MIT could produce a list of
24 people, but it can't.

1 A. I don't think you could get that list at
2 this date 40 years ago.

3 Q. Did you have, when you were at MIT as a
4 graduate student, access to computer facilities?

5 A. Yes.

6 Q. Did you have access to an IBM 704 computer?

7 A. There was an IBM, I don't know what number
8 it was.

9 Q. Did you ever distinguish between an IBM 650
10 and an IBM 704 being available?

11 A. I probably knew the difference then.

12 Q. You don't recall now?

13 A. It may say in the thesis what they were
14 computed on. I am not certain.

15 Q. If it doesn't, you have no memory of the
16 type of machine you used?

17 A. That's correct.

18 Q. Incidentally, your notes, Exhibit 4, refer
19 to 16.46T.

20 A. The T meant it was a temporary course
21 because it hadn't been taught before. I think it is
22 the first year Dr. Battin introduced this material,
23 then subsequent years I think he took it over and it
24 was 100 percent his. The first year he taught it

1 Dr. Wrigley had a section on general relativity.

2 Q. Why was there a section on general
3 relativity?

4 A. Because he was interested in it.

5 Q. Did you ever read English translations of
6 Dr. Hohmann's work?

7 A. Well, I must have because it interested me.
8 I can't recall exactly when or what the
9 circumstances were.

10 Q. Do you recall whether or not it was a NASA
11 technical translation?

12 A. No, I don't recall that.

13 Q. When you wrote Exhibit 1 was there some
14 reason why you didn't recognize Dr. Battin's role in
15 gravity assisted trajectories?

16 A. I referenced his textbook.

17 Q. The astronautical guidance?

18 A. Yes. 1964.

19 Q. That's not what, sir?

20 A. Sorry?

21 Q. That's not?

22 A. Reference number three.

23 Q. Looks like a three?

24 A. Yeah.

1 Q. Did you then think that Dr. Battin had
2 played a role in developing gravity assisted
3 trajectories?

4 MR. SWOPE: Objection to the form of the
5 question. You may answer.

6 A. Certainly.

7 Q. Was there some reason why you didn't mention
8 him by name in the text as opposed to citing to his
9 paper?

10 MR. SWOPE: Objection to the form of the
11 question.

12 A. Here at the very first sentence says the use
13 of multiple swing as part of an interplanetary
14 mission was considered as early as 1925 by Hohmann
15 and in '56 by Crocco. After that there were many
16 people that were interested in the subject. Usually
17 I would reference the work if I was aware of it.
18 Not necessarily draw attention to it.

19 Q. Do you recall -- sorry.

20 A. Some people object to your putting in a
21 paper information that is available in the
22 literature. Just because it is taking up space. I
23 think in the review of this paper there was a
24 comment that said people know all about that and

1 they shouldn't have to explain it.

2 Q. Do you know whether Hohmann ever spoke of
3 the influence of planets as assisting trajectories?

4 A. I can't recall what is written by Hohmann
5 now.

6 Q. Can you recall what was written by Crocco?

7 A. Not now.

8 MR. HERMES: I have no further
9 questions.

10 CROSS EXAMINATION

11 BY MR. SWOPE:

12 Q. I have one question. In answer to a
13 question that Mr. Hermes asked you about the
14 distinction between the new matter in your thesis
15 and previous work, you refer to the addition of the
16 creative part that you intend as Delta V, what does
17 that mean?

18 A. Delta V means you have changed the velocity
19 of the vehicle and it is a measure of what is
20 required in the way of fuel in the rocket. So every
21 time there is a thrust the normal assumption is made
22 that relative to the time of the interplanetary
23 trajectory it takes place instantaneously with a
24 magnitude of Delta V meaning the change in the

1 velocity. It is measured then of propulsive
2 requirements. Most of the efforts are to try to
3 minimize those Delta V's.

4 Q. Delta means change and V stands for
5 velocity?

6 A. That's correct.

7 MR. SWOPE: No further questions. Thank
8 you, sir.

9 (Whereupon the deposition was concluded at
10 3:51 p.m.)

11

12

13

14

15

16

17

18

19

20

21

22

23

24

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

C E R T I F I C A T E

I, WALTER M. HOLLISTER, do hereby certify that I have read the foregoing transcript of my testimony, and further certify that said transcript is a true and accurate record of said testimony (with the exception of the following corrections listed below):

Page	Line	Correction
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____

Sworn to and subscribed under the pains and penalties of perjury this ____ day of _____, 2005.

WALTER M. HOLLISTER

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24


COMMONWEALTH OF MASSACHUSETTS)

)
)
SUFFOLK, SS.

I, Katherine A. Tevnan, Registered Professional
Reporter, Certified Shorthand Reporter No. 129093
and Notary Public in and for the Commonwealth of
Massachusetts, do hereby certify that WALTER M.
HOLLISTER, the witness whose deposition is
hereinbefore set forth, was duly sworn by me and
that such deposition is a true record of the
testimony given by the witness.

I further certify that I am neither related to
or employed by any of the parties or counsel to this
action, nor am I financially interested in the
outcome of this action.

In witness whereof, I have hereunto set my hand
and seal this 17th day of February, 2005.

Katherine A. Tevnan 
Notary Public
My commission expires
March 22, 2007